

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

SUPERIOR COURT OF THE DISTRICT OF COLUMBIA
CRIMINAL DIVISION

----- x
UNITED STATES OF AMERICA :
vs. : No. F320-00
ANTHONY R. JENKINS, :
Defendant. :
----- x

Washington, D.C.
Tuesday, April 5, 2005

The ruling on a hearing in the above-entitled matter before the HONORABLE RHONDA REID WINSTON, Associate Judge, in Courtroom No. 302, commencing at approximately 10:50 a.m.

THIS TRANSCRIPT REPRESENTS THE PRODUCT OF AN OFFICIAL REPORTER, ENGAGED BY THE COURT, WHO HAS PERSONALLY CERTIFIED THAT IT REPRESENTS THE TESTIMONY AND PROCEEDINGS OF THE CASE AS RECORDED.

APR 11 2 43 PM '05
RECEIVED
SUPERIOR COURT OF THE DISTRICT OF COLUMBIA
CRIMINAL DIVISION

MARIA M. ALLISON, RPR
Official Court Reporter Telephone (202) 879-1050

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

APPEARANCES:

On behalf of the Government:

MICHAEL AMBROSINO, ESQ.
DAVID SAYBOLT, ESQ.
Assistant United States Attorneys
Washington, D. C.

On behalf of the Defendant:

CHRISTOPHER FLOOD, ESQ.
EDWARD UNGVARSKY, ESQ.
Attorneys at Law
Public Defender Service
Washington, D.C.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

P R O C E E D I N G S

THE DEPUTY CLERK: Calling the matter of
United States v. Raymond Jenkins, case number F320-00.

MR. AMBROSINO: Good morning, Your Honor.
Michael Ambrosino for the United States.

THE COURT: Good morning.

MR. SAYBOLT: Good morning, Your Honor. David
Saybolt on behalf of the United States.

THE COURT: Good morning.

MR. UNGVARSKY: Edward Ungvarsky on behalf of
Mr. Jenkins. Good morning.

THE COURT: Good morning.

MR. FLOOD: Good morning. Christopher Flood
on behalf of Mr. Jenkins.

THE COURT: Good morning. Counsel, let me
apologize to you all. I know I asked you to be here
over an hour ago, but it didn't happen as I expected.

All right. The case today is before the Court
because the Government has evidence that DNA profiles
of, I believe, 30 or so samples from the crime scene in
this case matched the defendant's DNA profile. The
government proposes that at trial it be permitted to
present this evidence, or evidence of this match, to the
jury by eliciting what's called the random match

1 probability to express the rarity of the profile in the
2 population, and ultimately it's the government's desire
3 to have its expert testify that Mr. Jenkins was the
4 source of these samples. When I refer to the random
5 match probability in this case, I'm referring to that
6 number as modified, or corrected rather, by the data
7 factor that has been spoken of in the literature and I
8 think that almost all of the experts who testified in
9 this case agree that the FBI uses.

10 Now, the defendant contends that the
11 government should not be allowed to present evidence of
12 the random match probability alone. The defendant
13 claims that presentation of the random match probability
14 is not generally accepted within the relevant scientific
15 community. The government contends that all the Court
16 needs find is that there is a lack of controversy over
17 how the random match probability, itself, is calculated
18 and that whether some additional calculation, in
19 addition to the RMP, should be presented to the jury is
20 a matter of relevance, which the Court can determine
21 without regard to what the scientists think.

22 When the government says that whether or not
23 the Court determines that another calculation ought to
24 be presented to the jury, it's referring to the
25 existence of theories that different scientists hold as

1 to how -- what method should be used to present
2 statistics or statistical probabilities in a case
3 involving a cold hit match, and that cold hit is a match
4 that is first made by comparing crime scene sample DNA
5 profiles to DNA profiles in a database. So, the
6 government believes that all the Court needs to do is to
7 determine whether or not the method of calculation of
8 the RMP is generally accepted, and government claims
9 that it is, and I don't think there is any real dispute
10 about whether the method of calculating RMP is generally
11 accepted. It is. But the defendant claims that not
12 only must the Court decide whether or not the
13 calculation of RMP is done according to generally
14 acceptable principles, but that also the Court must find
15 whether the method that the government proposes to use
16 to elicit the statistics before the jury is acceptable.

17 Now, in deciding whether or not the method
18 which the Court understands to be RMP alone is generally
19 accepted, well first I have to decide whether or not I
20 must apply the Porter and Frye analysis to the specific
21 method that the government intends to use. I'm going to
22 acknowledge that there are four views, and I will
23 discuss them later in this order, but I start with the
24 language in the Porter case.

25 The Porter case acknowledges that when DNA

1 evidence is presented, that statistics are at the heart
2 of the evidence, I mean the evidence doesn't really mean
3 anything without the statistics, and that convinces the
4 Court, along with several other things, that the Frye
5 analysis must be applied not only to the method of
6 calculating RMP but also to the method or the approach
7 that's used to present the statistics to the fact finder
8 in this case. And because members of the scientific
9 community and the Court acknowledges that there are a
10 number of views about what approaches should be used,
11 but the NRC 1 report first acknowledged that when a
12 match is made from a database search, that the fact that
13 the match was made from such a search has to be
14 acknowledged and has to be taken account of. Similarly,
15 the NRC II report, although it recommended a different
16 approach, espoused that the fact that the match came
17 from a database search had to be taken into account when
18 the statistics were presented. So, those are further
19 reasons that the Court is convinced that the Frye
20 analysis has to be applied with regard to the approach.
21 And I would also note that in the case of State of
22 California v. Robinson, which is in the pleadings, and
23 it's a trial court decision from California, that the
24 judge there applied what they called the Kelly-Frye
25 test, which is similar to our Frye test that's used in

1 this jurisdiction to the various approaches to determine
2 whether or not the various approaches that were
3 proffered in that case were generally accepted within
4 the relevant scientific community. So, I do think that
5 the Frye analysis has to be undertaken when I decide
6 whether or not this approach that the government
7 proffers, that is to suggest or to present just RMP
8 alone, should be presented.

9 But before I undertake that analysis, I think
10 it's important to refer to the language of Porter, and I
11 read Porter a number of times before and at the
12 beginning of this hearing, but it was instructive,
13 frankly, going back and reading it again and just some
14 language from Porter that I think is very important for
15 everyone to keep in mind as this Frye analysis is
16 undertaken.

17 The Court in Porter said that the issue is
18 consensus versus controversy over a particular technique
19 and not its validity. Now, while the Porter court
20 certainly said that unanimity is not required, it stated
21 that if scientists significant in number or expertise
22 publicly oppose a technique as unreliable, that that
23 technique does not pass muster under Frye. It causes
24 the Court not to resolve, or attempt to resolve, any
25 scientific dispute among opponents, and it spoke -- it

1 recognized that the Frye standard, when applied, often
2 retards, it says, the admission of proof based on new
3 scientific methods. But even acknowledging that, and
4 acknowledging that for that reason some jurisdictions
5 had changed to more liberal standards, it reiterated
6 that the Frye standard is the standard that's applied in
7 this jurisdiction. So, it's against that background
8 that I undertake this discussion of whether or not that
9 any RMP alone is permissible in this case in which the
10 match was first made from the database search.

11 Again, starting with the National Academy of
12 Sciences, NRC 1 report in 1992. The scientists and the
13 group, the individuals who made up that committee
14 recognized that there had to be some different approach
15 taken when the match was first made from the database
16 search. NRC 1 came up with what's now viewed as a very
17 conservative means of taking the database search into
18 account. It recommended discarding the first several
19 loci, based on which the match was made, investigatory
20 match was made, and to use for identification purposes
21 only additional loci beyond the investigatory loci.
22 Then later in 1996, the NRC II report espoused a
23 different view of the approach that should be taken when
24 the database search was the first means of identifying a
25 suspect; and even after NRC II, it seems that at least

1 members of the scientific community thought that there
2 was still some controversy because the DNA advisory
3 board, which consisted of individuals who were
4 recommended by the National Academy of Sciences, felt
5 the necessity to try to explain what NRC II meant, and
6 the DNA advisory board says that NRC II required the
7 reporting of two numbers; first, the random match
8 probability; and then secondly, what's called the data
9 match probability, which is a number, or calculation
10 rather, that's arrived at by multiplying the RMP times
11 the number of samples in the database or individuals in
12 the database. So, it's pretty clear that at least
13 through 1996 and then to some degree after 1996, there
14 was some -- there was a view that in these database
15 searches, that presentation of the RMP was not
16 appropriate, and what the debate was about was the
17 appropriate method to take into account the
18 ascertainment bias that the scientific community was
19 concerned with. So, the question is whether or not
20 today, five years after DAB, whether or not the approach
21 taken in which RMP alone is generally accepted. We have
22 heard from a number of experts, or three experts, that
23 reams of paper included in these exhibits on which are
24 printed the views of scientists about whether RMP or the
25 presentation of RMP alone is appropriate, and the Court

1 is mindful of the opinion of Dr. Crow, who has been
2 called the dean of genetics, at least by one of the
3 experts, and it's his view that technology has advanced
4 to such a state, and especially with the discriminatory
5 powers of PCRSTR testing, that it is safe now, for lack
6 of a better term, to present just RMP alone, but the
7 Court is also mindful of the testimony of
8 Dr. Chakraborty, who was also the Government's expert,
9 and in response to the Court's questions, he testified
10 that presentation of RMP alone is not generally
11 acceptable and that presenting RMP alone doesn't present
12 the full picture and what's generally acceptable is
13 presenting the whole picture, which requires a
14 presentation of DMP as well.

15 The Court is mindful of the testimony of
16 Dr. Bieber, who again, sort of consistent with Dr. Crow,
17 believes that because of the advances in technology,
18 that it is now safe and perfectly acceptable to present
19 RMP alone. And I am also aware of the testimony of
20 Dr. Bieber, I believe, that RMP -- and some of the other
21 experts whose materials were cited with the exhibits --
22 that RMP is presented in or is reported by forensic
23 laboratories worldwide. So, I'm aware of all those, but
24 I'm not sure or I don't believe that the opinions of
25 Dr. Crow and Dr. Bieber and the legions of people who

1 work in the forensic labs throughout this country and in
2 other countries means that the presentation of RMP alone
3 is generally acceptable. And again I refer to
4 Dr. Chakraborty's testimony, and in fact it was
5 Dr. Chakraborty's testimony that the DAB approach is
6 used in many labs throughout the country and that has
7 some connection with the requirement that labs have
8 access to CODIS comply with the DAB guidelines. But at
9 any rate, despite the testimony of Dr. Crow and Bieber
10 and Dr. Budowle and some of the other government -- or
11 individuals cited in the government's papers, in light
12 of the fact that DAB suggests that this two-number
13 approach be taken and in light of Dr. Chakraborty's
14 testimony that that's what's required -- and I must say
15 that the Court had enormous respect for Dr. Chakraborty
16 and his testimony -- I just can't find that presentation
17 of RMP alone is generally acceptable.

18 So, the question then becomes, at least under
19 Porter, whether there is any other approach to
20 presenting the statistics in a cold hit case that is
21 generally acceptable, even though it may yield more
22 conservative numbers. And so it's important for the
23 Court to at least acknowledge what the other approaches
24 are. And depending on whose viewpoint you subscribe to,
25 there are two, maybe three other approaches. Some of

1 the scientists, including Dr. Krane who testified here
2 and Dr. Kay, who was cited by the -- whose materials
3 were included with the defense submissions, suggest that
4 NRC II espoused an approach whereby one number would be
5 presented to the fact finder, that number being the data
6 match probability. Other members of the scientific
7 community interpret, including the members of the DNA
8 advisory board, who wrote the 2000 report, interpret
9 NRC II not to have said that RMP should not be
10 calculated but that in addition to it, that DMP should
11 be calculated.

12 To the extent that NRC II does support the
13 presenting of one number, there's very little, if
14 anything, to suggest that that approach has ever gained
15 any general acceptance within the scientific community.
16 And I'll stop here because I think it's important for
17 the Court to define its view of the relevant scientific
18 community, and I think it's really been acknowledged by
19 both sides in this case that that community consists of
20 forensic scientists but also geneticists, human
21 population, geneticists, as well as statisticians. But
22 the one number NRC II approach, if indeed that's an
23 approach, espoused by NRC II just has never gained
24 general acceptance within that scientific community that
25 the Court has just described. But the question about

1 which there was a lot of discussion during the hearing
2 is whether or not the two-number NRC approach or
3 interpretation has gained general acceptance within the
4 relevant scientific community, and I'll call that the
5 DAB approach because I think it just makes it clearer
6 what I'm referring to because the DAB suggests, and
7 Dr. Chakraborty and Dr. Krane agree, that NRC II should
8 be interpreted as requiring the presentation of two
9 numbers, the DMP in addition to the RMP. The government
10 indicates that it didn't have a problem with the
11 presentation of this DAB approach, except that it was
12 concerned about some prejudice that might flow to the
13 defendant if the Court were to require that the DAB
14 approach, I'll call it, be presented to the fact finder.

15 But before we get there, I have to undertake
16 the same analysis under Fry about whether or not that
17 approach is generally accepted. Again I'll refer to
18 Dr. Chakraborty's testimony, in which he testified that
19 he believed the DAB requires the presentation of both
20 numbers, and he was explicit because even when the
21 numbers or the calculations showed such a degree of
22 rarity as is alleged in this case, Dr. Chakraborty's
23 position is that DAB would still require the
24 presentation of two numbers. Now, Dr. Bieber believes
25 that technology has advanced to a state such that RMP

1 can be presented alone but doesn't really quarrel with
2 whether RMP and DMP are generally acceptable methods of
3 reporting out the answers to two questions, as long as
4 it's clear to the fact finder what questions are being
5 asked and what questions are being answered.

6 Dr. Crow, although he seems to suggest that
7 RMP is appropriately reported out alone, from his
8 affidavit the Court doesn't understand that he would
9 find that an approach that required the reporting out of
10 RMP and DMP is not acceptable, as long as the two
11 questions better asked and answered are appropriately
12 identified.

13 And then I note that Dr. Budowle, who is the
14 head scientist at the FBI lab, while he states that RMP
15 is routinely reported by forensic labs, indicate that
16 the FBI will report out RMP plus DMP, or at least will
17 report out RMP the fact that the search comes from -- or
18 the match was first made from a database search and also
19 the size of the database. So he doesn't seem to quarrel
20 with the idea that presenting two numbers is a generally
21 accepted approach to presenting the statistics. And
22 nobody really mentioned this gentleman's affidavit, and
23 I don't know whether this is the correct pronunciation
24 of his name. It's either Conneally or Connelly whose
25 material was submitted in the government's submissions,

1 but I actually found his affidavit quite helpful.
2 And he is a medical genetics and neurology professor.
3 It's his view that it's appropriate to report out both
4 numbers, but that reporting out either, I believe it's
5 his testimony through his affidavit, is appropriate as
6 long as the two questions are properly identified and
7 answered. Then again, Dr. Chakraborty seemed to have a
8 different view than Dr. Bieber about what's happening
9 with the labs in this country because Dr. Bieber seemed
10 to suggest that RMP -- or maybe it's Dr. Budowle
11 suggested that worldwide RMP is being reported out. But
12 Dr. Chakraborty's position is that in the United States
13 and Canada, the DAB approach is being followed, although
14 he acknowledged that in 12 or so cold hit cases of which
15 he was aware, the labs didn't go so far as to report out
16 the actual DMP calculations, but they reported RMP, the
17 fact that the search was made or match was made from
18 database search and also in most cases the size of the
19 database. So, there are these conflicting views about
20 what's happening in the labs in the country.

21 So, it would seem from those authorities that
22 the DAB interpretation of NRC II is subscribed to by a
23 number of prominent individuals in the relevant
24 scientific community. However, there is another group
25 of people, including statisticians, who disagree with

1 the DAB interpretation of NRC II or that is the
2 appropriate method of reporting out the statistics in a
3 cold hit case, and so a lot of people in that camp of
4 statisticians. And I can't ignore the statisticians,
5 since there is a commonly held view and it's logical
6 that statistics go to so much at the heart of this
7 evidence and what it should be meaning when a jury hears
8 about it.

9 And then there is another highly respected
10 geneticist, Dr. Newton Morton, who believes that NRC II
11 is not the appropriate method of reporting out
12 statistics in a cold hit case, and he seems to take the
13 view in his 1996 article that it's the NRC 1 approach
14 that should be reported out.

15 But in addition to them, there is Professor
16 Stockmarr. It's not really clear where he falls. NRC
17 II seems to suggest that their approach is somewhat
18 consistent, maybe even based on Stockmarr's approach,
19 but Dr. Stockmarr's article in the biometrics journal
20 suggests that he believes that the NRC 1 method is the
21 appropriate method to report out.

22 Dr. Krane, who testified here, who is also a
23 geneticist and who subscribes to the DNA that -- or the
24 NRC II approach or the interpretation of it, that would
25 allow him to report out one number. As I've said,

1 nobody really agrees with that, but the point is that he
2 disagrees with this DAB interpretation of NRC II.

3 And then there is Dr. Speed, and in his
4 affidavit there's one paragraph that suggests to the
5 Court that he is not familiar with what the FBI is
6 really doing, and he has some quarrels with the way DNA
7 evidence is presented for reasons other than the
8 approach that should be taken, where he is concerned
9 with laboratory errors and a number of other issues.
10 So, I mean he is respected. Even Dr. Bieber
11 acknowledged that, although he could not -- it seemed
12 to be incredulous that Dr. Speed said some of the things
13 that he said in his affidavit.

14 And then there is the group of statisticians
15 into which fall Balding and Donnelly and the individuals
16 who agree with them. They believe that NRC II asks the
17 wrong question, and so they don't really quarrel with
18 the calculations that are recommended by NRC II, at
19 least the interpretation of the DNA advisory board, but
20 they don't really agree that the calculations mean much
21 because they believe that the DAB NRC II calculation --
22 they believe that a database search really strengthens
23 the evidence against a suspect rather than weakens it,
24 as the NRC II\DAB approach seems to do. So the question
25 is whether the existence of this group of people that is

1 made up of not only statisticians but geneticists -- and
2 I believe Dr. Stockmarr is a forensic geneticist. And
3 then there is the group with -- so that the question is
4 whether or not I can find that the DAB\NRC II approach
5 is generally accepted.

6 And I will say that, having listened to all
7 the evidence in this case, the Court is convinced that
8 the DAB/NRC II position is sound. It allows the fact
9 finder to hear very relevant evidence about the rarity
10 of the profile, while at the same time taking into
11 account this ascertainment bias with which members of
12 the scientific community are concerned. And again, I
13 was tremendously impressed by the testimony of
14 Dr. Chakraborty, but even though that's the Court's
15 view, I'm reminded of what Porter holds, it's not what
16 the Court believes, and I'm not to choose sides or to
17 decide whether this group of people disagree with the
18 NRC II approach or DAB approach are less worthy of
19 belief than the individuals who espouse the view that
20 RMP and DMP should be reported, and so I do believe that
21 there is still a controversy about whether the DAB/NRC
22 II approach is generally accepted.

23 And I was reading again this -- I suppose
24 it's a chapter in a book that was edited by Buckleton
25 and Triggs and Walsh, and that is an exhibit that I

1 admitted over the government's objection, but I do think
2 that a couple paragraphs in chapter 12 of that -- what
3 appears to be chapter 12 of that book, I guess, at pages
4 464 and 465, sort of characterize or describe the state
5 of the lay of the land, I'll say, with respect to the
6 acceptability of the DAB/NRC II report. They say that
7 the strength of the DNA evidence resulting from an
8 intelligence database match is often presented without
9 any mention that the hit was obtained from a database.
10 It is usually not in the suspect's interest to let a
11 jury know that he\she has a sample on the DNA database,
12 and the question of whether searching an intelligence
13 database for a match affects the strength of the
14 evidence has been discussed extensively and forcefully
15 in the literature. The issue also affects any search
16 among suspects whether they are on a database or not.
17 Unfortunately, there is much confused writing, and it
18 would be very difficult for a court to make a reasoned
19 decision based on a simple assessment of literature
20 recommendations.

21 And then it goes on to chronicle the different
22 viewpoints that are held by members of the scientific
23 community. The first National Research Council report
24 suggested that those loci used in the matching process
25 should not be used in assessing the weight of evidence.

1 The second NRC report recommended that an adjustment be
2 applied by multiplying the match probability -- and I'm
3 not going to refer to these figures that they use -- by
4 the number of people on the database. And then they
5 give an example, which I won't quote primarily because
6 I'm not sure how to quote these, some of these symbols
7 in the sentence.

8 To date, Aitken, Balding and Donnelly,
9 Balding, et al, Berry, Dawid, Dawid and Mortera,
10 Donnelly and Friedman, Evett, Evett and Weir, Evett, et
11 al. and Finkelstein and Levin have all suggested that
12 the evidence is slightly stronger after a database
13 search and hence no correction is required. Meester and
14 Sjerps suggest that a flat prior be assumed and that the
15 posterior probability be reported, but essentially
16 support an unadjusted, like LR for likelihood ratio.
17 Taroni, et al take a Bayes -- B-A-Y-E-S -- net approach
18 and reach the same conclusion. The result of the
19 database search has the character of an additional piece
20 of evidence.

21 The two NRC reports, Devlin, Lempert and
22 Stockmarr, have suggested that the match probability be
23 multiplied by the number of people on the database
24 (termed the NP approach). Lempert suggests multiplying
25 by the size of the suspect population and not the

1 database. Morton suggests confirmatory markers or the
2 use of the NP approach, and the National Commission of
3 the Future of DNA Evidence appears undecided. And I
4 think that that's one group that has not been mentioned
5 during this hearing. It's unclear what Goldman and Long
6 desired, but they did suggest that the estimated match
7 probability must be adjusted to take into account
8 multiple testing. Then they cite one of what they call
9 their favorite pieces, and I quote, "Because the
10 probative effect of a match does not depend on what
11 occasioned the testing, we conclude that the adjustment
12 recommended by the committee (NRC II) should not be
13 made. The use of Bonferroni's adjustment (multiplying
14 by the size of the database) may be seen as a
15 frequentist attempt to give a result consistent with
16 Bayesian analysis without a clear-cut Bayesian
17 formulation. (Finkelstein and Levin)

18 And then they say that Gornick, et al. give an
19 interesting practical demonstration of the problem with
20 regard to the identification of war victims using
21 reverse paternity. Again, they say the only scientific
22 safeguard is confirmation at additional loci. We must
23 recommend continued scientific investigation to foster
24 an understanding and assessment of these risks. It
25 seems likely that the public, at least in New Zealand,

1 is not fully informed of these risks nor has informed
2 public debate occurred.

3 What would a biologist or court make of this?
4 And the mathematical arguments given by either side
5 appear impressive; however, we believe the weight of
6 logical argument is on the "no correction" side. And
7 then they go on to explain why they think the approach
8 that they espoused should be used.

9 Those several paragraphs say in a much more
10 succinct way than the Court has and could that there is
11 a controversy about the method that should be used when
12 a match is made from a database search. And it's not a
13 manufactured controversy, it's not an insignificant one
14 because there are esteemed members of the community on,
15 I would say, both sides but on all the several sides of
16 this issue. So, with the information that's set forth
17 in those several paragraphs, as well as what the other
18 submissions from both sides have shown the Court and as
19 well as what the testimony of the three experts who have
20 testified in this hearing have informed the Court on, it
21 would simply be -- and as much as I say the Court
22 believes that the DAB\NRC II approach is appropriate, it
23 is simply designed to suggest that there is no
24 controversy over how this evidence should be presented
25 when there is a cold hit.

1 Now, where does that leave us? The only
2 approach that the Court has not discussed and that the
3 defense believes that the Court could somehow use as a
4 -- and for lack of a better term, I'll say a fall-back
5 position is as Porter suggests that the Court should
6 seek, but I would like to hear if the government wishes
7 to be heard what the government's view is of whether the
8 Court can find that the NRC 1 approach is generally
9 acceptable because although it certainly is
10 conservative, it's ultra conservative as almost
11 everybody has testified, conservatism is just not
12 enough. It still has to be generally accepted. So, I
13 ask the government whether they wish to be heard on
14 that.

15 MR. AMBROSINO: Well, our position, Your
16 Honor, I think as Your Honor knows, is that as long as
17 the DAB approach is sound, I mean we believe that's the
18 end of the issue. I don't think that as long as it's
19 mathematically and statistically sound, although there
20 might be a controversy over whether it's the best
21 approach, it's unlike the controversy that existed in
22 the past over the other issues that have been resolved
23 by the courts and that all the controversy here that's
24 being talked about, the same controversy existed over
25 the applicable and prior rule and all the other issues

1 that the courts have resolved. And so our position,
2 Your Honor, is that I don't believe there is general
3 acceptance. You can find general acceptance of a
4 principle that's never been, as far as the evidence in
5 the record shows, implemented by any forensic lab. I
6 don't believe it was ever implemented because, as the
7 witnesses testified, it's impractical and it's outdated
8 and it's something that nobody does. And, no, I don't
9 think the Court could hold there is general acceptance
10 of something that's never been done even since it's
11 recommendation in '92. And I think all the witnesses
12 agreed it's not being done by any forensic lab anywhere
13 today. So, our position would be as long as DAB
14 approach is sound, statistically and scientifically,
15 regardless of whatever discussions are going on in the
16 scientific community, under Porter it can be admitted
17 and should be admitted.

18 THE COURT: All right. That just is not my
19 reading of Porter because I think that that view is
20 really more appropriate to a Dauber jurisdiction, and
21 Porter is clear that where there is controversy, not
22 validity I think is what it says, it's whether it's
23 acceptable. And so, you know, I heard the defense when
24 you suggested that, you know, the NRC 1 approach is
25 acceptable. I can't find that because, although it's

1 conservative and nobody disagreed with the method that
2 was used to calculate it, you know, it wasn't ever
3 implemented. Everybody agrees that it -- to use the
4 words of one of the submissions -- it wastes evidence,
5 and I think the Court has to be -- has to figure into
6 this general acceptable calculus whether or not it can
7 be or has been implemented, and some of the scientists
8 and even couple of the witnesses here believe that it
9 can't be now because it espouses using some of the loci
10 for investigatory purposes and then using additional
11 ones for identification purposes, and now everybody is
12 testing the maximum number of loci that are available.
13 So, it would be difficult to implement.

14 Even though the Court is mindful of the
15 existence of these two kits, I believe you refer to that
16 test for additional loci, I just don't know how I can
17 find NRC 1 is generally acceptable. That leaves the
18 Court in the difficult and undesirable position of
19 finding there is no generally acceptable method, and
20 that's the last thing the Court wants, but I think that
21 that's where I am forced to end up.

22 Where does that leave us, counsel?

23 MR. AMBROSINO: Probably in the Court of
24 Appeals, Your Honor.

25 (General laughter.)

1 THE COURT: Oh, how I know. All right.

2 MR. SAYBOLT: Maybe we'll get your Dauber
3 test, Your Honor. Maybe you'll be -- You are the test
4 case.

5 THE COURT: Well, I sure don't want to be the
6 test case, but --

7 MR. AMBROSINO: Your Honor, the only thing --
8 and I understand Your Honor's reasoning and I understand
9 Your Honor has put a lot of time and has given a very
10 thoughtful and extensive decision. The only thing I
11 would say is that in our view, there was clearly in
12 Porter -- and I know Your Honor has read and reread,
13 given a lot of thought to the wording of Porter -- and I
14 think the one thing I would point out is that in Porter,
15 there was clearly controversy in the scientific
16 community about the sealing principle, and there are
17 certainly those people who said it should be
18 implemented, it shouldn't be implemented. But because
19 the science -- at least the principle underlying both
20 giving the sealing principle and not giving it were
21 sound, that the Court allowed both numbers to come in.
22 And I don't think that would be any different than
23 allowing both the RMP and DNRC to approach -- come in
24 because I don't think that there's any controversy over
25 the soundness of principles on both numbers. And that's

1 the only thing I'd say, but obviously I probably said
2 that before. I don't think I'm persuading the Court,
3 but --

4 THE COURT: Well, you know, we are where we
5 are, but I want to thank all of you for litigating this
6 case in a way that the Court understood, even though I
7 understood it differently than the government, that the
8 Court came to understand a lot about this issue, and you
9 all presented your viewpoints very well. So, thank you.

10 So, shall we set a status hearing?

11 MR. UNGVARSKY: Court's indulgence.

12 MR. AMBROSINO: Can the parties talk, Your
13 Honor?

14 THE COURT: Counsel, you all just want five
15 minutes? All right, how about ten?

16 THE DEPUTY CLERK: Court stands in brief
17 recess.

18 (Court recess. 11:45 a.m.)

19 (Proceeding resumed. 11:55 a.m.)

20 THE DEPUTY CLERK: Calling the matter of
21 United States v. Raymond Jenkins, case number F320-00.

22 THE COURT: The Court notes the presence of
23 all counsel. Status, counsel?

24 MR. UNGVARSKY: Your Honor, this is our
25 request. I don't think the government has decided their

1 view on our request yet. We'd like to come back on
2 Monday, and we'd like to come back in the current
3 posture we are in now, which is pretrial, and we'd like
4 to try to use the intervening days to see if there is
5 any possibility of some accommodation, where the lawyers
6 being -- You know, we want to talk to Jimmy Klein of
7 our office, and I expect they are going to talk to John
8 Fisher of their office, and they are going to talk to
9 their division chief to see whether there's any way in
10 which we reach any sort of stipulation of any kind that
11 could -- that may -- if something can be reached. If
12 it's possible -- I am not saying it is -- but if a
13 stipulation is possible, then I'd like to try it and
14 then try the case. If a stipulation is not possible,
15 then, you know, so be it, but I'd rather not lose the
16 ability to try the case now, if we could find some
17 stipulation. So I'm asking if we can come back in a
18 pretrial posture on Monday. Meanwhile, the lawyers will
19 do, you know, what lawyers do, and Ms. Allison is going
20 to try to get a transcript of the ruling. And it may be
21 that when we come back on Monday, we'll then be saying
22 to the Court that they are going to notice an appeal and
23 we'd like a status date at a certain time out. And it
24 may be -- and I'm not saying this is a high likelihood
25 -- but it's a worthy likelihood, given the age of the

1 case and given the complexity of the case, there is a
2 likelihood perhaps some stipulation can be reached and
3 we can actually present it to the Court, see if the
4 Court agrees with it. I think courts have to agree to
5 stipulations. In any event, we can actually move the
6 case forward. I say that, obviously, for the Court of
7 Appeals. We are pleased with the Court's ruling, but I
8 also want -- you know, I don't want the Court to think
9 that we are not pleased, but we want to -- you know, we
10 don't want to -- you know, if we can do something that
11 would head off an appeal and that would head off all the
12 work we have done on appeal and that would head off a
13 delay that's occasioned by an appeal, then I think that
14 that would be in the interest of our client if we can
15 reach stipulation. I'm not saying we can't.

16 MR. AMBROSINO: Your Honor, I don't think the
17 -- I think the approach suggested by defense is a
18 reasonable one. I'm not as optimistic or -- I don't
19 know if that's the right word -- I'm maybe not as
20 desperate as Mr. Ungvarsky is here, but I think it's a
21 reasonable request. We will be prepared to come back
22 Monday and at that time notify the Court if we are, in
23 fact, taking the case up on appeal or how we think
24 everything should proceed at that time. That gives us a
25 little time to absorb the Court's decision and talk with

1 the appropriate folks.

2 THE COURT: I am not objecting to that. So,
3 monday morning at 9:30. Let me just ask this, though,
4 because I have another case that's scheduled for trial.
5 If there is any possibility that you all know what
6 posture you are going to be in, say, Friday afternoon, I
7 would appreciate just somebody sending a FAX, copy to
8 the other side. Thank you very much, counsel.

9 MR. FLOOD: Thank you, Your Honor.

10 MR. AMBROSINO: Thank you, Your Honor.

11 THE COURT: Counsel, since we don't know --
12 Well, if you reach some stipulation, is it your thought
13 that -- who knows whether that will happen? But would
14 you want Mr. Jenkins brought up Monday?

15 MR. UNGVARSKY: No, because if we are just --
16 if we are going to be continuing the case, then I think
17 he would rather not be here; and if we are going to be
18 proceeding ahead, we still have some preliminary matters
19 to discuss, and we probably wouldn't be picking a jury.
20 It's sort of we would be doing what we are doing today,
21 and I don't -- he has a strong preference, as the Court
22 may have observed and heard, to not come. So, until the
23 jury actually comes in the room.

24 THE COURT: All right. Then let's come back
25 Monday. If you can let me know something Friday

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

afternoon, I would appreciate it.

MR. UNGVARSKY: Very well.

MR. AMBROSINO: Thank you.

THE COURT: Thank you.

(Proceedings adjourned. 12:00 noon)


1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

CERTIFICATION OF REPORTER

I, MARIA M. ALLISON, an Official Court Reporter for the Superior Court of the District of Columbia, do hereby certify that I reported, by machine shorthand, in my official capacity, the proceedings had upon the motions hearing in the case of the UNITED STATES OF AMERICA vs. ANTHONY RAYMOND JENKINS, Criminal Action No. F320-00, in said court on the 5th day of April, 2005.

I further certify that the foregoing 32 pages constitute the official transcript of said proceedings, as taken from my machine shorthand notes, together with the backup tape of said proceedings.

In witness whereof, I have hereto subscribed my name, this, the 11th day of April, 2005.



MARIA M. ALLISON, R.P.R.
Official Court Reporter

RECEIVED
APR 11 2 43 PM '05
DISTRICT OF COLUMBIA
SUPERIOR COURT